

1 Wednesday, 24th February 1999

2 R V Paul Hobson

3  
4 SB 10.30.

5 MR KERR: It is proposed to interpose Professor Crane. His  
6 evidence is at page 90, but effectively the evidence will be  
7 given from his report exhibit 10, page 50 of the papers.

8 PROFESSOR JACK CRANE. Sworn

9 Examination in chief by MR KERR:

10 Q. Professor, would you please, for the record, state your  
11 qualifications and the appointments that you hold.

12 A. Bachelor of medicine, bachelor of surgery, a Fellow of  
13 the Royal College of Pathologists. I hold the diploma in  
14 medical jurisprudence in clinical forensic medicine and in  
15 forensic pathology. I am a Fellow of the Faculty of  
16 Pathology of the Royal College of Physicians of Ireland. I  
17 am the State Pathologist for Northern Ireland, Professor of  
18 forensic medicine at the Queen's University of Belfast and a  
19 consultant in pathology to the Northern Ireland Health and  
20 Social Services Boards.

21 Q. Professor, did you conduct the post mortem examination of  
22 the body of Robert Hamill on the 9th of May 1997 at 2.30 pm?

23 A. I did, my Lord.

24 Q. Was that at the mortuary, Royal Victoria Hospital in  
25 Belfast?

26 A. That's correct.

27 Q. Was the deceased identified to you by [REDACTED]  
28 [REDACTED] from RUC Lurgan?

29 A. Yes, my Lord.

30 Q. During the course of the autopsy were photographs taken at

1 your direction by [REDACTED] from photography branch?

2 A. That's correct, my Lord.

3 Q. And was one item of clothing first removed from the body, a  
4 pair of red boxer shorts?

5 A. That is correct.

6 Q. Did you conduct an external examination of the body?

7 A. Yes, I did.

8 Q. What did you find on your external examination?

9 A. This was a body of a young man of average build weighing  
10 75 kilogrammes and measuring 175 centimetres in length. That  
11 probably equates to about 11 and a half stone, my Lord, and  
12 probably about five feet ten inches in height.

13 Q. Yes?

14 A. Rigor mortis, which is a stiffening of the body that  
15 occurs after death, was present. And there was hypostasis on  
16 the back of the body. This is the purple discolouration that  
17 occurs due to the pooling of blood after death.

18 Q. Did you discover any injuries present?

19 A. Yes, I did, my Lord. First of all, on the head, there was  
20 an area of red abrasion, 15 millimetres diameter on the left  
21 side of the forehead above the temple and close to the  
22 hairline. Within its centre was a fine linear pinkish red  
23 scar, two centimetres long.

24 Q. Yes?

25 A. There was a resolving reddish purple bruise,  
26 25 millimetres long and up to nine millimetres broad, across  
27 the upper eyelid of the left eye. And thirdly there was a  
28 spot of abrasion, two millimetres diameter, on the  
29 nasolabial fold close to the left nostril.

30 MR KERR: Just stopping there, Professor. Could he please be

1 shown exhibit number 5, the post mortem photographs.

2 (To the witness): I wonder perhaps with reference to  
3 photographs number 3 on to six, could you indicate those  
4 three injuries to the court, please.

5 A. Looking at photograph number 3, my Lord, you will see  
6 the area of abrasion on the forehead, and you can see the  
7 fine scar running across it. And still on the same  
8 photograph if you look at the left eye you can see the  
9 bruising of the eyelid. This bruising was resolving,  
10 indicating that it was beginning to fade, it was not recent.  
11 And similarly on the same photograph if you look just at the  
12 left nostril you will see a small dark reddish brown spot.  
13 That's a small portion of bruising.

14 Q. Moving on then, Professor, did you examine the trunk?

15 A. Yes, I did, my Lord. There was a fading yellowish purple  
16 bruise, probably associated with a surgical suture, two  
17 centimetres diameter on the front on the front of the right  
18 shoulder. That injury, my Lord, I think was as a result of  
19 surgical treatment. I don't think that it was as a result of  
20 anything else. I think it was because they had inserted a  
21 stitch into the skin there.

22 Q. And any further?

23 A. Yes, there was a fading yellowish green bruise, two  
24 centimetres by one centimetre on the front of the abdomen,  
25 eight centimetres to the left of and one centimetre above  
26 the umbilicus, or naval.

27 Q. Perhaps photograph number 8, Professor.

28 A. Yes, my Lord. That bruise there. (Indicating).

29 Q. Moving on, did you then find anything in relation to the  
30 upper limbs, firstly the left upper limb, Professor?

1 A. Yes, when I examined the left upper limb there were two  
2 fading greenish purple bruises. One was one centimetre in  
3 diameter and the other was two and a half by one and a half  
4 centimetres diameter on the outer side of the upper arm. And  
5 there were a number of blotchy fading bruises, the largest  
6 three by two centimetres on the back of the left forearm,  
7 the wrist and the back of the left hand.

8 Q. Perhaps if you could refer to photographs number 9 and ten,  
9 Professor.

10 A. Photograph number 9 shows the fading bruises on the  
11 outer side of the upper arm, just below the tattoo, my Lord.  
12 And photograph number 10 shows the forearm, the wrist and  
13 the back of the hand, and you will see there are a number of  
14 bruises. Again these are fading indicating that they are  
15 some days old.

16 Q. The right upper limb?

17 A. There was a fading greenish yellow bruise, three and a  
18 half by two centimetres on the outer side of the upper arm.  
19 There was another fading bruise, about two centimetres  
20 diameter, on the front of the forearm close to the fold of  
21 the elbow. There was a further fading bruise, 15 millimetres  
22 diameter, possibly related to needle punctures, on the back  
23 of the hand just proximal to the metacarpo-phalangeal joint  
24 of the index finger.

25 Q. And are there photographs of that limb in 11 and 12?

26 A. Photograph number 11, my Lord, shows the fading bruise on  
27 the outer side of the upper arm, just below the shoulder  
28 area. Photograph number 12 shows the bruise near the fold of  
29 the elbow.

30 Q. And you have referred to photograph 13 perhaps in relation

1 to the puncture marks?

2 A. Yes, there was a bruise on the back of the hand. I think,  
3 my Lord, there are two small marks in the centre of that  
4 bruise, I think that's been caused when he's been receiving  
5 treatment and they have possibly tried to remove blood or  
6 give an injection into a vein there. So I think that's  
7 related to treatment as opposed to an actual injury.

8 Q. Finally, externally the right right lower limb.

9 A. Yes, there was an area of indistinct yellow bruising,  
10 1212 centimetres by 14 centimetres, with more definite  
11 resolving bruising at its posterior extremity on the outer  
12 side of the pelvis and extending onto the right buttock.

13 Q. Perhaps if one would refer to photograph number 14,  
14 Professor.

15 A. Yes, my Lord. This yellowish discoloured area and the  
16 more definite bruising below it in the region of the  
17 buttock.

18 Q. Now, were there signs of treatment present on your external  
19 examination in relation to the body?

20 A. Yes, there were, my Lord. There were multiple needle  
21 puncture wounds on the sides of the neck, above the inner  
22 end of the right collar bone and below the outer half of the  
23 collar bone. There were further needle puncture marks in the  
24 fold of the elbow, on the thumb side of the wrist and on the  
25 back of the hand. There were cannulae, intravenous cannulae  
26 projecting from the right forearm and the back of the right  
27 wrist. There were needle puncture marks on the top of the  
28 left foot. There was a needle puncture mark on the top of  
29 the right foot and a cannula projected from the inner side  
30 of the right foot. These were all associated with treatment

1 in hospital, giving drugs, removing blood and so forth,  
2 my Lord. And finally there was a catheter, which was  
3 draining urine, projecting from the penis.

4 Q. Moving on then to the eyes and conjunctivae.

5 A. There was nothing wrong with the eyeballs or the lining  
6 of the eyes themselves. Similarly the ears were normal.  
7 There was a little scabbed excoriation of the left nostril.  
8 I found a tattoo on the outer side of the left upper arm and  
9 the letters 'RH' had been tatooed on the outer side of the  
10 right upper arm. The scrotum was examined and the testes  
11 were present.

12 Q. Did you then conduct an internal examination, Professor?

13 A. Yes, I did, my Lord. Firstly the head. The scalp was  
14 reflected and I found an area of bruising, six centimetres  
15 by three centimetres, so that's about two and a half inches  
16 by about an inch and a half, in the left temporalis muscle,  
17 that's the muscle on the left side of the head, this sort of  
18 area here, my Lord, above the ear. (Indicating). And another  
19 smaller area of bruising, three centimetres by one  
20 centimetre, over the right parietal region. The right  
21 parietal region is slightly lower down on the right-hand  
22 side of the scalp.

23 Q. Just for the record, were you indicating an area just behind  
24 and above the level of the ear?

25 A. Yes, both were roughly similar areas on each side of the  
26 head, near the ear, my Lord.

27 Q. Yes?

28 A. I then examined the skull. It was of normal thickness and  
29 density, its thickness varying between three millimetres and  
30 ten millimetres. I found that in the relatively thin frontal

1 bone on the left side there was a fissure or hairline  
2 fissured or hairline fracture, seven centimetres long, which  
3 extended downwards and medially into the roof of the left  
4 orbit, that would be the roof of the eye socket, my Lord.  
5 And there was a little associated bleeding, what we call  
6 extradural haemorrhage, in that area. It can be seen in the  
7 photograph, my Lord.

8 [REDACTED]: Which photograph?

9 THE WITNESS: Photograph number 17 and 18, my Lord. We are  
10 looking at the base of the skull, my Lord, after the top of  
11 the skull has been taken off, and you will see that there's  
12 a small hairline fracture running across. And you will see  
13 there's a spot of bleeding just to its side, to its left  
14 extremity.

15 [REDACTED]: Would that relate in any way  
16 positionally to the abrasion?

17 THE WITNESS: It didn't relate to the bruising of the scalp,  
18 those were on the side of the head, this was more to the  
19 front.

20 [REDACTED]: The bruising, could it be seen  
21 externally?

22 THE WITNESS: Well, there was some bruising abrasion on the eye,  
23 my Lord, but I don't think that this was directly related to  
24 that.

25 MR KERR: Sorry, could you perhaps just indicate that to  
26 Mr Allister, please.

27 A. That's the fracture and then we have a little bit of  
28 bleeding. (Indicating).

29 Q. Thank you, Professor.

30 A. I then examined the brain. It weighed 1,548 grams. It

1       seemed rather bulky with a with flattening of the  
2       convolutions and grooving of the cerebellar tonsils. The  
3       convolutions, my Lord, are the little grooving on the  
4       surface of the brain and they seemed somewhat more flattened  
5       than normal.

6       [REDACTED]: What about the cerebellar tonsils? It's  
7       a new one on me, I'm afraid.

8       THE WITNESS: The cerebellar is the hind part of the brain, and  
9       if the brain undergoes any degree of swelling, part of the  
10      cerebellum known as the tonsils are sometimes grooved. So it  
11      may be an indication that the brain has undergone some  
12      degree of swelling.

13     [REDACTED]: Is that really it shows some form of  
14      compression, does it?

15     THE WITNESS: Well, if the brain swells it's in a rather  
16      confined space and, therefore, it pushes down on the  
17      cerebellum and, therefore, you get a lot of grooving of it.

18     MR KERR: Yes?

19      A. The meninges, which are the surface covering of the  
20      brain, were examined. They were congested but translucent,  
21      in other words they were quite clear. The fluid which lines  
22      the brain, the cerebra spinal fluid, appeared a little  
23      cloudy. I was concerned about that and the reason why I  
24      mention it, my Lord, was the possibility that there might  
25      have been some infection of the brain. The commonest  
26      infection being meningitis which every one is familiar with.

27     Q. You will be coming back to that at a later stage?

28      A. Yes, I will.

29     Q. Just continue then if you would, Professor.

30      A. I examined the mouth. There was no bruising of the

1 mouth and it contained natural teeth in fair condition in  
2 each in both jaws. The tongue and the back of the throat  
3 were normal. There was no damage to the hyoid bone or the  
4 laryngeal cartilages, the hyoid bone being the small bone in  
5 the upper part of the neck, the laryngeal cartilages being  
6 the voice box and Adams apple. The thyroid gland was normal,  
7 as was the heart sac. I then examined the heart. It was of  
8 normal size. There was some small haemorrhages on its  
9 surface, probably of no significance, probably a terminal  
10 event, my Lord. The remainder of the heart was normal, as  
11 was the aeorta, which is the main blood vessel in the body.  
12 The chest cavity was normal. The larynx, or voice box,  
13 showed slight swelling of its opening and a little bleeding  
14 below the vocal folds. This was probably related to  
15 treatment, my Lord, a tube is normally put down the throat  
16 to protect the airway and there's a cuff on this and the  
17 cuff presses on the voice box.

18 Q. So that's a treatment mark you believe?

19 A. That is correct, yes.

20 Q. Yes?

21 A. The wind pipe and the main air passages to the lungs were  
22 examined. Apart from containing a little frothy fluid they  
23 were otherwise normal. The lungs were examined. The left  
24 lung weighed 567 grams and the and the right lung 813 grams.  
25 They were deep purple in colour with a heavy jelly-like  
26 texture. Section revealed a dark congested oedematous  
27 tissue. Oedematous means that the lungs were rather wet,  
28 there was a lot of fluid in them. The gullet was normal.

29 I then examined the abdominal cavity and found a little  
30 bruising in the muscles of the front wall on the right side.

1 The stomach was normal. It contained a little bile stained  
2 fluid. The intestines were normal, as was the duodenum and  
3 the appendix and rectum. The liver and gall bladder were  
4 normal. The spleen was soft but otherwise nothing of  
5 significance. The pancreas, adrenal glands and kidneys were  
6 all normal. The bladder contained some urine and its lining  
7 was reddened, but again this was probably due to the fact  
8 that there had been a catheter in the bladder as a result of  
9 treatment. The prostate was normal. I then examined the  
10 ribs, the bony spine and the pelvis, and they were intact,  
11 there was no evidence of any fractures there.

12 Q. Did your autopsy conclude then at 4.10 pm and did you hand  
13 to the scenes of crime officer samples of head hair, blood  
14 and fingernail clippings?

15 A. Yes, that's correct, my Lord.

16 Q. Did you subsequently carry out a further examination and  
17 have your examination carried out in relation to the brain?

18 A. Yes, I did, my Lord. The brain wasn't further examined at  
19 the time of the post mortem because it was quite soft. So  
20 the normal procedure is, my Lord, is that the brain is then  
21 fixed in preserve tiff to make it easier to examine. And  
22 that was done. When it was examined there was no evidence of  
23 bleeding over its surface, in other words there was no  
24 subdural or subarachnoid haemorrhage, and there was no  
25 meningitis, in other words there was no infection.

26 Q. Does that refer back to the cloudiness of the fluid that you  
27 had referred to?

28 A. Yes, as I said, I was a little bit concerned that the  
29 fluid was cloudy and the possibility of infection. There was  
30 no surface bruising to the brain itself nor was there any

1 evidence of swelling of one side as opposed to the other. So  
2 there was no what we call aysmmetrical swelling. There was  
3 no evidence of uncal or tonsular herniation or necrosis. I  
4 mentioned earlier that the cerebellar tonsils were grooved.  
5 If the degree of swelling is very severe then these parts of  
6 the brain can actually undergo degeneration. That wasn't  
7 present.



8 : What does uncal refer to?

9 THE WITNESS: The uncii are also small areas of the brain,  
10 my Lord, which can become compressed when the brain swells.

11 MR KERR: Yes?

12 A. The vessels crossing the base of the brain were examined  
13 and they were normal. The brain was then sectioned. The most  
14 significant finding was the presence of small focal  
15 haemorrhages within the deep white matter of both the  
16 frontal lobes in what we call the parasagittal location. The  
17 sagittal location would be the midline going from front to  
18 back, so these areas were close to the midline in the white  
19 matter. And they were also present in an area of the brain  
20 known as the left thalmus and the internal capsule area. In  
21 addition there was diffuse vascular congestion throughout  
22 the white matter of the brain. There was no haemorrhage on  
23 the corpus callosum although it appeared congested. That is  
24 a band of brain tissue which runs between the two  
25 hemispheres across the brain from side to side, my Lord.  
26 There was no evidence of midline shift or internal  
27 herniations but there was a mild degree of ventricular  
28 compression. The ventricals, my Lord, are the spaces inside  
29 the brain, and if the brain undergoes any degree of swelling  
30 then the size of these ventricals, or spaces, is reduced. So

1 there was some evidence that they were not as large as  
2 normal, suggesting that there might have been some degree,  
3 albeit slight, of brain swelling.

4 Q. Yes?

5 A. There was no caudal discent of the mamillary bodies.  
6 Again that's a part of the brain that may be compressed when  
7 the brain swells. The brain stem, which is that part of the  
8 brain which controls respiration and other vital functions,  
9 was examined, and there were small diffuse punctate  
10 haemorrhages within it. The cerebellum, which is the hind  
11 part of the brain, was examined, but it appeared normal.

12 Q. Was there then an examination of various organs by  
13 microscopy?

14 A. Yes. The normal procedure then is to examine some of the  
15 tissues under the microscope, my Lord. The heart fibres were  
16 examined and they were normal. The lungs were examined.  
17 There was a lot of fluid in the lungs, as I indicated, both  
18 grossly, and this was seen whenever they were seen whenever  
19 they were looked at under the microscope, and there were  
20 areas of haemorrhage within the lungs. In one of the  
21 sections of the lungs that I examined, not only was there  
22 haemorrhage but the tissue was dissolving out and this was  
23 probably associated with the aspiration of stomach contents.  
24 The as I had contents of the stomach can cause the lung  
25 tissue to undergo dissolution and haemorrhage. So that  
26 probably was a terminal event, my Lord, close to or round  
27 about the time of death. There was no evidence of pneumonia  
28 in the lungs, in other words there was no infection there.  
29 The liver and kidneys were both examined. In the liver there  
30 was no evidence of acute degeneration. There was a specific

1 reason for looking at that, I will come onto that later,  
2 my Lord. The kidneys were examined and they seemed normal.  
3 Again I looked for casts in the kidneys and again there was  
4 a specific for doing that.

5 Q. Then were sections of the brain examined under microscopy?

6 A. Yes, multiple sections of the brain were examined. The  
7 frontal lobes, first of all, and these showed the presence  
8 of small contusion or bruises within the white matter. These  
9 were associated with areas of acute degeneration within the  
10 white matter and the finding of what we call diffuse axonal  
11 damage.

12 [REDACTED]: What's the significance of the word  
13 axonal?

14 THE WITNESS: I can perhaps come on to explain that later on.

15 [REDACTED]: Yes, indeed.

16 THE WITNESS: There was no evidence of hypoxic or ischaemic  
17 necrosis of the cortex. In other words if there was evidence  
18 that the brain had been starved of oxygen for any prolonged  
19 period of time, for example, at the time the head injury was  
20 sustained, you might expect to see damage in the  
21 frontal part of the brain and there wasn't any evidence of  
22 that. And again there was no evidence of either meningitis  
23 or encephalitis.

24 The corpus callosum was examined, that's the part of  
25 the brain that crosses from one side to the other, and it  
26 showed diffuse axonal damage. And there was further acute  
27 axonal damage in the left internal capsule. The hypothalamus  
28 was examined and there was some axonal damage in that area.  
29 That might be significant, my Lord, because the hypothalamus  
30 is the part of the brain that regulates your body

1 temperature and if it can be damaged then the temperature  
2 regulation of the body can become deranged.

3 Q. Yes?

4 A. The mid-brain was examined, that's part of the brain  
5 stem, and it showed diffuse axonal damage. There was no  
6 evidence of secondary brain stem haemorrhage. Sometimes what  
7 can happen is that if the brain becomes swollen then the  
8 brain stem can suffer damage and you can get a large  
9 haemorrhage within it. There was no evidence of that. The  
10 pons, which is another part of the brain stem, was examined,  
11 and it showed features of severe diffuse axonal damage. The  
12 cerebellum was examined, which is the back part of the  
13 brain. There was no evidence of cortical necrosis and the  
14 Purkinje cells were preserved. Again the Purkinje cells were  
15 sensitive to lack of oxygen and if there had have been a  
16 prolonged period of deprivation of oxygen to the brain one  
17 might have examined to see damage there.

18 SB to BC 11.00

19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30

4 **MR KERR:** Yes? A. There was only a small scar  
5 in the white matter of the cerebellum. There were some  
6 further tests done on brain sections to confirm the  
7 distribution and severity of the diffuse axonal damage. I  
8 can explain what that is.

9 Q. Yes? A. The axons are like part of  
10 the brain cells. They are one type of brain cell. They  
11 consist of a central portion, and there is usually a tail  
12 leading from the central portion. In certain types of injury  
13 to the brain the axons may become damaged. They can  
14 leak fluid and the fibres retract, and when you look at the  
15 brain under the microscope you can see little retraction  
16 bulbs. This type of head injury is well documented. It  
17 probably occurs at the time that the head injury is  
18 sustained, but it takes some time for the changes to  
19 become apparent, either closely when you look at the  
20 brain, or, indeed, when you look at the brain under the  
21 microscope. So, although the damage to the axons has  
22 occurred immediately, if you like, we cannot see those  
23 changes until some time... the very earliest are a few hours  
24 after the injury, using normal techniques it is some days.  
25 The work that has been done on this type of brain injury  
26 shows that it is most commonly associated with  
27 acceleration/deceleration injury. In other words, that the  
28 brain is moving and it comes to a sudden stop. It is being  
29 shaken around inside the skull, and one of the commonest

30

1 B.C.2

2 causes would be in road traffic accidents, and this is where  
3 it has been most widely seen.

4 Q. I will be asking you to give your full commentary  
5 later, but can I ask you something about the mechanism of  
6 the injury. For example, can you say whether or not this  
7 injury would be caused by punching, for example, punching  
8 in someone like a boxer? A. There is very

9 clear evidence, documented work, to show that this type of  
10 injury occurs in assaults.

11 **THE JUDGE:** Would I be right in getting the idea that  
12 it is more from violent movements of the head itself rather  
13 than the external effect of a blow per se?

14 A. Yes, that is correct.

15 Q. I know that I am simplifying it, but that is the way  
16 that I like it? A. What My Lord is saying is

17 correct. In other words, a simple blow where the head is  
18 stationary and remains stationary is not thought to be  
19 associated with diffuse axonal injury. It is, however,  
20 recognised as occurring in assaults, but curiously this lesion  
21 has not be described in any of the work which has been  
22 done on boxers.

23 **MR KERR:** It has not been described?

24 A. Correct. The brains of boxers have been subject  
25 to close scrutiny. The view is that perhaps simple  
26 punching alone might not be sufficient to cause this injury  
27 because in people who are subject to repeated blows to the  
28 head, i.e. boxers, this is not found.

29 Q. Would it be consistent with multiple kicks to the  
30 head, causing the head to go from side to side?

1 B.C.3

2 A. Yes, it would, because the violence has to be  
3 associated with the head being moved violently, and  
4 certainly if the head was kicked violently causing it to move  
5 then diffuse axonal injury could occur and has been  
6 described.

7 **THE JUDGE:** Could a factor be whether the person  
8 was conscious or unconscious? You might keep your head  
9 more still if you were conscious, I suppose, whereas  
10 unconscious .....? A. If the head is not moving  
11 for whatever reason then that may be a factor. Obviously  
12 if the head is moving, if it is unsupported, then that is  
13 more likely, and is probably a pre-requisite for this injury to  
14 occur. The literature indicates that simple falls are not  
15 associated with diffuse axonal injury. In other words, if  
16 you are standing and fall to the ground and strike your  
17 head, then the view is that you do not get diffuse axonal  
18 injury. It has been associated with two types of falls.  
19 These are falls from a height, and the greater the height  
20 the more severe the degree of axonal injury is. Secondly,  
21 in what we call accelerated falls, in other words, if the  
22 person is propelled to the ground, so, if you are standing,  
23 My Lord, and fall and hit your head, it is thought that  
24 diffuse axonal injury does not occur, but if you are standing  
25 and you are punched violently causing a rapid movement to  
26 the ground, that certainly may cause it.

27 **MR KERR:** I think, unless I missed it, I think that you  
28 carried out or had work carried out – reports from the  
29 bacteriology lab? A. Yes, I did. Although I  
30 found no evidence of pneumonia in the lungs, I sent along

1 B.C.4

2 tissue to the laboratory. That grew from spinal fluid and  
3 bacteria but I understand that they are not thought to be  
4 significant. Because the fluid around the brain was cloudy  
5 again I sent some of that off for bacteriological  
6 examination. Some organisms were found but they were  
7 not thought to be significant and were thought to be  
8 contaminants, and when the brain was examined there was  
9 no evidence of infection. I think that we can exclude  
10 infection of the brain as being a feature of the case.

11 Q. Taking all your examination, the work and the  
12 reports that you received, did you come to an Opinion?

13 A. Yes, I did. This young man died in hospital eleven  
14 days after he had been assaulted. Death was as a result of  
15 the head injuries which he had sustained. Externally his  
16 injuries appeared trivial; there was a small area of abrasion  
17 on the left side of the forehead, a bruise on the upper  
18 eyelid of the left eye and a small spot of abrasion close to  
19 the left nostril. Even internally the injuries did not seem  
20 particularly severe with only two areas of bruising on each  
21 side of the under surface of the scalp and a small almost  
22 hairline fracture in the front part of the skull running into  
23 the roof of the left eye socket. Detailed examination of  
24 the brain, however, and in particular its microscopic  
25 examination, revealed widespread damage within its  
26 substance of a type known as diffuse axonal injury. This  
27 condition, most frequently encountered in  
28 acceleration/deceleration injury as a result of road traffic  
29 accidents, is also well recognised as occurring as the result  
30 of repeated blows to the head, such as by punching or

1 B.C.5  
2 kicking, and this would seem the most likely mechanism of  
3 injury in this case. It was ultimately the effects of the  
4 brain injury which were eventually responsible for his death  
5 in hospital. He has also sustained some other injuries  
6 although none of these were serious enough to have played  
7 any part in his death. There was a fading bruise on the  
8 front of the abdomen and some further bruising in the  
9 muscles of the abdominal wall which could have been due  
10 to blows during the assault. There were numerous bruises  
11 on the left upper limb, particularly on the forearm and  
12 hand, which could have been sustained if the arm was  
13 struck whilst raised in a defensive gesture. A few further  
14 bruises were located on the right upper limb but some of  
15 these were probably related to injections given whilst in  
16 hospital. A fairly large area of bruising overlying the right  
17 side of the pelvis was due to blunt force and might have  
18 been caused by a kick. The autopsy also revealed some  
19 changes in the lungs caused in part probably by a period of  
20 assisted ventilation and also by the terminal aspiration of  
21 stomach contents but these findings are unlikely to have  
22 contributed to or accelerated death. In view of the lapse  
23 of time between the assault and his death, an analysis for  
24 the presence of alcohol was not carried out following the  
25 autopsy. On his initial admission to hospital, however, an  
26 analysis carried out at that time revealed an alcohol  
27 concentration of 221 mg per 100 ml. Such a level would  
28 leave no doubt that he was moderately intoxicated at the  
29 time of the incident. Also, it is well recognised that  
30 alcohol intoxication exacerbates the effects of head injuries

1 B.C.6

2 and may well have played a part in the fatal outcome in this  
3 case.

4 Q. Arising out of that, if I can ask a number of  
5 supplementary questions. In cross-examination you were  
6 not present at the time of the Senior in the  
7 Neuropathology Ward. He was asked to read some  
8 comments from the report of [REDACTED]. One of the  
9 comments was that this was a minor injury. Can I ask you a  
10 two-barrelled question? Can you comment on the  
11 description from [REDACTED] point of view of a minor

12 injury, and can you say whether in fact that description is  
13 justified? A. This was not a minor injury, this was  
14 a very serious head injury which this man sustained. It  
15 might appear to have been a minor injury. In other words,  
16 the external findings, ie, the paucity of injuries, might have  
17 suggested to the clinicians that perhaps the underlying  
18 brain's injury was not as serious as it was, and certainly my  
19 initial examination of the brain suggested perhaps that it  
20 did not appear to be a serious injury. However, the  
21 severity of it was confirmed whenever the brain was  
22 subjected to a more detailed examination.

23 **THE JUDGE:** Functional tests would have probably  
24 shown that the brain was not showing any sign of serious  
25 damage? A. Yes, certainly from my reading of  
26 the hospital notes and so forth perhaps there did not  
27 appear to be the serious functional effects which you might  
28 have expected in a more serious injury. However, in  
29 saying that, I think it was apparent while Mr Hamill was in  
30 hospital there still was evidence of cerebral damage and

1 B.C.7

2 irritation, and he wasn't fully conscious. He was agitated  
3 and disorientated, so there was some evidence, although  
4 perhaps not as obvious as one might have expected with  
5 this degree of injury.

6 **MR KERR:** There was questions in relation to pulmonary  
7 embolism. Can you say whether or not you are in a  
8 position to deal with that? A. Pulmonary  
9 embolism is a condition whereby blood clots form usually in  
10 the deep veins of the legs because the person is immobile,  
11 lying around. These clots become detached and travel in  
12 the circulation to the heart and then go to the lungs where  
13 they block the major arteries at the root of the lungs. It is  
14 well recognised as a sudden death. At autopsy these are  
15 easily detected and found. There were no emboli in the  
16 lungs and I can exclude that as a cause or contributory  
17 factor in the death.

18 Q. You then said that you had obviously read medical  
19 notes and records in relation to it, and on the day of his  
20 death he received an injection of a drug to calm his  
21 irritation. There were questions as to whether that may  
22 have contributed or caused the death? A. The  
23 drug that he was given is Chlorpromazine, and he was given  
24 Haloperidol. There is a condition associated with both  
25 these drugs. It is a rare condition. It is an unusual allergic  
26 reaction to these drugs which is occasionally seen in some  
27 individuals known as the malignant neuroleptic syndrome.  
28 It is characterised by a high temperature and various  
29 changes in both the brain, the liver and the kidney. Mr  
30 Hamill did have a raised temperature, and I think that the

1 B.C.8  
2 doctors, looking after him, were concerned that there was  
3 the possibility that this could have been a factor in the  
4 death. Whilst the condition of malignant neuroleptic  
5 syndrome is not associated with specific changes at autopsy  
6 there are some changes that occurred and are recognised  
7 both in the brain, and the liver, where you can see acute  
8 degeneration, and in the kidneys, where you can see the  
9 breakdown of red blood cells. We were keen to ascertain  
10 whether that was the case or not. There were no findings  
11 either in the brain, the liver or kidneys to indicate that the  
12 malignant neuroleptic syndrome associated with the drugs  
13 administered contributed to his death.

14 Q. One further matter which I think you have dealt  
15 with but if we deal with it now was a suggestion of  
16 septicaemia? A. Yes, that is a form of blood  
17 poisoning which is associated with a generalised infection  
18 in the body. Blood was taken whilst he was in hospital  
19 when he developed the high temperature to look for  
20 infection, and my understanding is that there was no  
21 infection in the blood. One may see some change at post  
22 mortem associated with that. I did not examine the blood  
23 or send the blood off for examination because post mortem  
24 examination of blood for infection is unreliable and any  
25 results are meaningless. I relied upon the fact that that  
26 had been done during life and there was no evidence of  
27 infection at that time.

28 Q. I think, to draw conclusions together, can you say  
29 whether or not this man would have died but for the head  
30 injury? A. I think this is the crucial question,

1 B.C.9

2 My Lord, so far as I am concerned, and the one which I  
3 considered. The view which I take is that this man would  
4 not have died had he not sustained the head injury, and his  
5 death I can only ascribe to the effects of the head injury.

6 Q. Thank you.

7 **CROSS-EXAMINATION BY [REDACTED]:**

8 [REDACTED]: Before you started your post mortem  
9 you were briefed by Detective Inspector Monteith?

10 A. Correct.

11 Q. You made notes of what he told you?

12 A. Yes, I did.

13 Q. Among those notes is a record that he told you  
14 that this victim had left a certain licensed premises at

15 about 1.45 A.m.? A. I will have to refresh my  
16 memory from my notes. Yes that is correct.

17 Q. He described to you the fact that he had been  
18 assaulted and included in that description the possibility  
19 that two or three men had jumped on his head?

20 A. Yes.

21 Q. When you came then to examine externally the  
22 body of Mr Hamill all that you found externally were trivial

23 injuries? A. Yes, the injuries on the surface of  
24 the head were trivial.

25 Q. You found the abrasion at the left temple and a  
26 bruise on the eyelid and that was the essence of it?

27 A. That is correct, there was a small abrasion just at  
28 the left nostril.

29 Q. There was no facial bone damage?

30 A. There wasn't, My Lord.

1 B.C.10

2 Q. Indeed, the injuries found elsewhere were likewise  
3 very minor, or trivial externally? A. Correct.

4 Q. Indeed, many of the recorded bruises could be  
5 due to treatment, injections and testing?

6 A. Some were. I think I have indicated where I think  
7 those marks were. Others were not. Some of them in my  
8 view were defensive injuries.

9 Q. Dealing with some of the defensive injuries on the  
10 arms, they were all quite small? A. Correct.

11 Q. About thumb size? A. Correct.

12 Q. That was the general description of them. They  
13 were about the size of a thumb? A. Correct.

14 Q. Some were yellow, some were purple. There were  
15 various colours? A. Yes. These were fading  
16 injuries.

17 Q. Does the various colour delineations tell us  
18 anything on when they were inflicted - the timing?

19 A. It tells us that they are not recent, but it is  
20 impossible to precisely age bruises. What we can say is  
21 that they were not recent and they are the sort of fading  
22 bruises one might have if they had been sustained a  
23 number of days prior to death.

24 Q. Mr Hamill was moved to hospital eleven days  
25 before death? A. Correct.

26 Q. Some of the bruises on the arms may have been  
27 bruises obtained in the intervening period?

28 A. That is a possibility, but one has to consider why  
29 then did these bruises occur in the intervening period.

30

1 B.C.11

2 Q. If he were very agitated and had to be restrained  
3 to obtain injections would he have to be held firmly?

4 A. Yes, that is a possibility.

5 Q. Held firmly by the arms? A. Yes,  
6 that is a possibility.

7 Q. Would some of the bruising, remembering the size  
8 of them and the location on the arms, be consistent with  
9 having firmly been restrained? A. I can't say  
10 whether they would or would not. That is a possibility. I  
11 don't know whether he was restrained with having his arms  
12 grasped or not. Normally, if a person requires restraint  
13 the technique in hospital is not to grab them by the  
14 forearms.

15 Q. But if a man is in an agitated state and one needs  
16 to inject his arm or treat him one may be compelled to do  
17 that? A. That is a possibility.

18 Q. Dealing with the generality of the external  
19 injuries that you found which you have agreed can be  
20 classified as trivial did he have the external injuries which  
21 you would expect for a man if he had been jumped on by  
22 three men, especially around the head and shoulder area?

23 A. I don't know that one can necessarily say what  
24 injuries you might expect, but there wasn't certainly any  
25 serious facial injury which might occur if someone had  
26 been, for example, trodden on or jumped on.

27 Q. If someone was lying on his back with his head  
28 jumped upon one would expect at the very least nasal  
29 injuries and facial bone injuries? A. Yes,

30

1 B.C.12

2 certainly one could expect to see damage to the facial  
3 structures.

4 Q. If one was jumped on in the shoulder area one  
5 would expect to see substantial bruising? A. Well, it  
6 is a possibility, but the shoulder area is also quite muscular,  
7 so one might not necessarily expect to find ....

8 Q. Looking at the photographs of the facial injuries,  
9 it would be surprising if those were all the injuries  
10 sustained by a man jumped upon by three other men?

11 A. Yes, I think it is fair comment to say that one  
12 might have expected to see more injuries. It depends on  
13 the amount of force used, applied to the head.

14 Q. Is it fair to say that in the external injuries you  
15 could find nothing that would sustain or corroborate a  
16 suggestion that he had been jumped upon by two or three  
17 men? A. Yes, I don't think from considering  
18 the external injuries alone that I could have drawn a  
19 conclusion that he had been jumped on.

20 Q. Considering the external injuries in themselves,  
21 would it be fair to say that they would be suggestive of  
22 only light force being used upon the injured party?

23 A. The surface injuries were trivial and they could be  
24 in keeping with the application of slight force.

25 Q. In the type of external injuries that one finds on  
26 someone if the attacker is trying to do him really serious  
27 harm? A. I don't necessarily think that the

28 pathologist can answer that as to what is in the mind of the  
29 attacker.

30

1 B.C.13

2 Q. If that manifests itself into a viciousness in attack  
3 one would expect to find corresponding injuries, would you  
4 not? A. You certainly could, but that does not

5 mean to say that he had the intent to cause vicious harm.  
6 In other words, I could take a very substantial kick at My  
7 Lord's head, but if it only glances off his head he might  
8 have very trivial injuries, but that does not mean that my  
9 assault was not intended to be vicious.

10 Q. Does the left temple injury appear to be one that  
11 might be a glancing blow? A. That is a  
12 possibility, yes.

13 Q. Is it a matter of fact that all the external injuries  
14 noted in the autopsy, except the facial injuries, are  
15 nowhere recorded on the hospital notes? A. I  
16 don't think they would record the external injuries to any  
17 detail. I would need to refresh my memory on that.

18 Q. The internal injuries one would not describe them  
19 as severe? A. Well, .....

20 Q. That is in your commentary. 'Even internally,  
21 injuries did not seem particularly severe.'?

22 A. Yes, I think I said that they did not appear  
23 particularly severe.

24 Q. What the internal examination revealed were two  
25 relatively modest bruises below the scalp, one to the left  
26 and one to the right? A. There were two areas

27 of bruising on the sides of the scalp.

28 Q. And then you found this almost hairline fracture  
29 on the left front of the scalp? A. On the skull,  
30 that is correct.

1 B.C.14

2 Q. Was there any overlying bruises on the under-  
3 surface of the scalp in the location of the almost hairline  
4 fracture? A. There wasn't, My Lord. There was  
5 what we call bleeding around it, and that can be seen in the  
6 photograph, but the scalp itself showed no bruising at that  
7 location.

8 Q. Is that an unusual finding to find a fracture but  
9 without overlying bruising? A. Yes, it can be.  
10 Here was the abrasion to the left forehead area and it is  
11 possible that that was associated although a little bit away  
12 from the site of the fracture.

13 Q. Demonstrate on your own head?

14 A. The abrasion was here, near the hairline. The  
15 fracture was slightly lower down.

16 Q. Point to where the fracture was?

17 A. The difficulty with a fracture is that it is in the  
18 base of the skull as opposed to outside the skull, but  
19 considering the roof of the orbit where one's eyebrow is, it  
20 is lower down than where the abrasion was, which was  
21 higher up towards the hairline.

22 **THE JUDGE:** How did the fracture run?

23 A. It was going side to side, so from the left side  
24 towards the right side.

25 [REDACTED] Horizontally? A. Yes.

26

27

TO S.B. 11.30 a.m.

28

29

30

1 Wednesday, 24th February 1999

2 R V Paul Hobson

3 PROFESSOR JACK CRANE

4 (Cont'd) Cross-examination by [REDACTED]

5 Q. Would it be normal when you have a fracture to find above it  
6 under surface bruising and then above that the external  
7 injury?

8 A. Yes. That is normally the case. I think one particularly  
9 with fractures in this area, at the base of the skull, has  
10 to consider another mechanism, my Lord, in that the skull  
11 fracture does not always, particularly with basal skull  
12 fractures, necessarily appear subjacent to the injury, which  
13 is I think the point you are trying to make. And the reason  
14 for that is that if you have force transmitted through the  
15 base of the skull, then the part of the skull that is  
16 weakest and thinnest may fracture. And I suspect that in this  
17 case what has happened is that the force has been  
18 transmitted probably from the side or the front of the head,  
19 that part of the skull which is fairly firm and rigid has  
20 stood up to the force, but the thinner part of the skull,  
21 which is that part forming the roof of the orbit and which  
22 is really shell thin, fractured.

23 Q. But the force applied, if it were the force where you find  
24 the abrasion, wasn't even sufficient to break the skin.

25 A. Yes, that's not necessarily surprising. The skull may  
26 fracture without the skin being broken.

27 Q. Well, do you relate the actual area of abrasion conclusively  
28 to the finding of the fracture?

29 A. No, I'm not specifically, what I am saying is that that  
30 is a possibility as to the injury. The other possibility is

1 the actual injury to the eye itself might have been the  
2 injury that resulted in the skull fracture, I don't  
3 specifically know.

4 Q. But you found no overlying bruising on the under surface of  
5 the scalp in the location of the fracture?

6 A. No. Again, to explain this, the fracture is mainly in the  
7 base of the skull. There is no overlying scalp there to  
8 bruise. I think if you see on the photograph, my Lord, we  
9 are looking at the base part of the skull. We're not looking  
10 at the side of it which is covered by scalp, we are looking  
11 at the base. There's no scalp there. What you can see quite  
12 definitely is bleeding in that area indicating that force  
13 has been applied and that the rather thin bone there has  
14 fractured.

15 [REDACTED]: How is the skull facing, as it were, in  
16 photograph 17?

17 THE WITNESS: The front of the head is at the top of the  
18 photograph, my Lord. And what has been done is the top of  
19 the skull has been removed. If you were slicing the top of  
20 an egg.

21 [REDACTED]: At what point roughly?

22 THE WITNESS: Well, we tend to take it round.

23 [REDACTED]: Round the eyebrow, forehead.

24 THE WITNESS: Yes. So what you're seeing there, that part of the  
25 skull at the front is in fact --

26 [REDACTED]: And you are looking down on it here?

27 THE WITNESS: You are looking straight down inside the head. The  
28 bone that you see at the front there, which is forming the  
29 arc at the top, is in fact the bone of the forehead area.

30 [REDACTED]: Yes, that's the frontal part.

1 THE WITNESS: The frontal region. Now, as you look down you are  
2 seeing the roof of the eye socket, and you can see the roof  
3 of the eye socket on the left side and you can see the  
4 fracture running through it and the bleeding over it. This  
5 is the base of the skull.

6 [REDACTED]: If we had a slightly different view you  
7 would see the sort of the cavities for the eyes.

8 THE WITNESS: The eye is directly underneath this. If you lift  
9 up that bone the eyeball is directly beneath that.

10 [REDACTED]: You have described it as almost a hairline  
11 fracture.

12 A. That is correct.

13 Q. So it obviously wasn't a substantial fracture.

14 A. No. The bone here is thin and does fracture relatively  
15 easily with force applied.

16 Q. And, therefore, if it's thin at that point, and significant  
17 force is applied, one might expect more than a hairline  
18 fracture.

19 A. Well, you might. Again it depends on how the force is  
20 transmitted.

21 Q. It's the easiest part of the skull to fracture, is that  
22 right?

23 A. No, it's not. The bone is thin and, therefore, does  
24 fracture easily, in fact it's so thin you could put your  
25 thumb through it, but it is protected because this is in the  
26 base of the skull and, therefore, the force has to be  
27 transmitted to it and that might be significant force. It  
28 doesn't necessarily mean that it's minor force. It is the  
29 transmission of the force to that thin bone causing it to  
30 fracture.

1 Q. At what depth is the fracture?  
2 A. Sorry, I don't understand.  
3 Q. From the outside in, as it were.  
4 A. I'm sorry, I don't understand.  
5 Q. You don't understand. Is it a surface fracture?  
6 A. Well, again I'm not quite sure.  
7 [REDACTED]: Where does it start relative to the skin  
8 of the face, as it were?  
9 THE WITNESS: It probably, well, a fracture is a lesion that  
10 runs from two points, we'll call A and B. But one doesn't  
11 know whether it starts at A and goes to B or starts at B and  
12 goes to A. So what we can say is that it's running across  
13 roughly, if you like, from left to right across. But one  
14 doesn't know whether it starts towards the middle, towards  
15 the outside, or from the outside towards the middle.  
16 [REDACTED]: But its near nearest point to the face,  
17 as it were, would be what sort of distance?  
18 THE WITNESS: Well, its nearest point is probably the temple  
19 region, if you like, of the head, this sort of area here.  
20 (Indicating).  
21 [REDACTED]: Now, is it correct that there is little to note  
22 externally in the brain apart from some minimal swelling?  
23 A. That is correct, my Lord.  
24 Q. Was there any surface bleeding?  
25 A. No, there wasn't.  
26 Q. Was there any surface bruising at all?  
27 A. No, there wasn't.  
28 Q. Would surface bleeding and bruising not invariably be  
29 associated with significant brain damage?  
30 A. No, my Lord.

1 Q. So one can have a distinct absence of external bruising, a  
2 distinct absence of under surface bruising, and a distinct  
3 absence of any surface bleeding in the brain?  
4 A. That is correct, my Lord.  
5 Q. And still have a significant brain injury?  
6 A. Yes, this is very well recognised and well documented. It  
7 is seen particularly in young children who are subjected to  
8 accel/deceleration injuries.  
9 Q. The shaking syndrome?  
10 A. Well, shaking, but also in road accidents. It's often the  
11 finding in young children who have died as a result of road  
12 traffic accidents that there is nothing to see on their  
13 brain at all. And yet if you look closely at the brain you  
14 find evidence of diffuse axonal injury.  
15 Q. Let's come to this idea of diffuse axonal injury. It, as you  
16 have described, is the product of acceleration and  
17 deceleration.  
18 A. That is the general feeling, that is correct, yes. It  
19 is movement of the head causing sheering of the axoes.  
20 Q. And I think you said in terms of kicking it would require  
21 violent kicking if kicked violently then diffuse axonal  
22 injury could occur.  
23 A. Yes. The evidence is that assault such as by punching  
24 and kicking can cause this injury.  
25 Q. It would be of considerable force required?  
26 A. Yes, I think it has to be considerable force, yes.  
27 Q. Because you have said interestingly that boxers who subject  
28 themselves obviously to receiving substantial punches thrown  
29 with all the might that the opponent can muster, are not  
30 regarded as those who suffer this type of injury.

1 A. That's correct. The examination of the brains of boxers  
2 have failed to reveal diffuse axonal injury.

3 Q. That would appear to be fairly strong in suggesting that  
4 even a violent punch is not enough.

5 A. Well, I think one has to be careful in saying that, but  
6 the view that I take is that if the literature says that  
7 this hasn't been ascribed, probably it is perhaps more than  
8 just punching is required to cause the injury. One of course  
9 doesn't always know the exact scenario in relation to the  
10 injury sustained. The point that my Lord made earlier, the  
11 boxer who is conscious and who's been subjected to blows  
12 might very well be able to control the movements of his  
13 head, whereas perhaps someone else who is perhaps  
14 unconscious or semi-conscious might not be able to do that  
15 in an assault. So whilst it's not described simply in boxers  
16 who are subjected to punching blows, I couldn't exclude the  
17 possibility --

18 Q. Professor, you said boxer who is conscious might be able to  
19 control his head, but within a very limited plain he can  
20 move it one way or the other, but if the blow connects it  
21 connects, is that right?

22 A. Oh, yes, yes.

23 Q. So whether one's conscious or unconscious there has to be a  
24 relationship to that magnitude of the blow, is there not?

25 A. I think that's reasonable, yes.

26 Q. And to suffer this injury the magnitude of the blow has to  
27 be severe.

28 A. I think that is correct, yes.

29 Q. So severe that that normally obtained in the boxing ring  
30 wouldn't be enough.

1 A. Yes. As I said, this lesion has not been described in  
2 boxers.

3 Q. So translating from punching to kicking it would follow,  
4 would it, that the kick involved would have to be of great  
5 magnitude?

6 A. Well, I think significant force has to be applied, yes.

7 Q. And in applying significant force am I correct it would have  
8 to be to the head?

9 A. Yes, my Lord.

10 Q. And if applied, if the force we're talking about is applied  
11 to the head would one not expect in those circumstances to  
12 find external tell tale signs of that?

13 A. Yes, you might, my Lord.

14 Q. And, therefore, to get a kick of sufficient magnitude to do  
15 the type of damage we're talking about, you would expect to  
16 have the external signs of that.

17 A. You may have, my Lord.

18 Q. You would expect to have?

19 A. I don't know that one could say one would expect to have.  
20 What I am saying is that you may have.

21 Q. Would you agree that a glancing blow would be unlikely to do  
22 this sort of damage?

23 A. Well, one of the things about a glancing blow may be to  
24 cause the head to move violently. What I think is unlikely  
25 is that a single glancing blow, a single blow is unlikely to  
26 do it.

27 Q. A single glancing blow.

28 A. But the point of a glancing blow to cause a head  
29 violently to move could be a factor.

30 Q. And indeed in terms of kicking are we talking about a

1 repeated kicking in order to inflict this type of injury?  
2 A. Well, again, my Lord, we don't know just how much force  
3 is required to do this, but the view is that probably not a  
4 single blow and probably a number of blows have to be  
5 applied to the head to cause this type of injury.  
6 Q. And if I understand you correctly to get the momentum one is  
7 speaking of the head probably moving from left to right,  
8 does that mean that jumping on the head is unlikely to be a  
9 cause?  
10 A. I think, if you like, simple jumping alone with the head  
11 remaining stationary is unlikely to cause this injury. I  
12 think there would have to be some degree of movement of the  
13 head to cause it. That's correct.  
14 Q. And did you say other circumstances where it arises in road  
15 traffic accidents, we're not talking about the typical rear  
16 end shunt of a road traffic accident, are we, we're talking  
17 about a high velocity accident?  
18 A. Well, there has to be significant acceleration,  
19 deceleration in road accidents, that's correct.  
20 Q. So a head on collision between two fast moving cars could  
21 cause this?  
22 A. Yes, it could, my Lord.  
23 Q. And one really has to manifest that degree of force and  
24 momentum to do this type of injury.  
25 A. Yes. I mean, it does, we think, require significant  
26 force, that's correct.  
27 Q. So bringing it back to kicking or punching, or kicking, it  
28 would have to be sustained vicious kicking.  
29 A. Well, again I don't know that I can say that. What I  
30 can say is that in my view, and from my knowledge of the

1 condition and from reading the literature, there probably  
2 have to be multiple blows to the head. Now, I cannot exclude  
3 the possibility that punching alone could not do it, or that  
4 a combination of punching and kicking could not do it. And  
5 that's why I have included both those in my commentary.

6 Q. Could I bring you back to the point; if one requires  
7 multiple blows, or multiple kicks, would it not be very  
8 surprising not to find any external evidence of such  
9 multiplicity of blows?

10 A. Well again one may expect to find evidence of those, and  
11 particularly so if they are applied to the face. Perhaps  
12 less so if they are applied to the sides of the head, in  
13 other words to the scalp, one may not necessarily see  
14 external injuries.

15 [REDACTED]: If the victim were trying to protect  
16 himself with his arms and the blows were delivered to the  
17 arms, could the force be transmitted to the head that way?

18 THE WITNESS: No, my Lord.

19 [REDACTED]: Would the effect of the arms be to cushion the  
20 blows?

21 A. Yes, it would if anything protect the head, to cause the  
22 force to be transmitted.

23 Q. If there had been a violent kicking, acceleration  
24 deceleration, the head swinging from one side to the other,  
25 would you expect to find any signs within the neck of  
26 damage?

27 A. You may do. In my experience it's not a major feature of  
28 kicks to the head region. I mean, if you get a kick to the  
29 neck area you may well find injury there, both either on the  
30 outside or on the inside.

1 Q. Bearing in mind the degree of violence, the degree of  
2 momentum that's required and the swift acceleration and  
3 deceleration, would there be any transmitted injury through  
4 to the neck?

5 A. Well again it is a possibility, but in my experience  
6 people who have blows to the head from kicking and so forth,  
7 neck injury isn't that common.

8 Q. Now, you obviously have access to all the hospital notes and  
9 the scans, etc., that were done on this man.

10 A. I did, my Lord.

11 Q. And you acquainted yourself with the clinical history.

12 A. Yes, I did, my Lord.

13 Q. And indeed you made notes on it in your original report. You  
14 said that one mightn't see the initial signs of this diffuse  
15 injury in the first few hours without really specialist  
16 examination, is that right?

17 A. Yes, as a pathologist, in other words if someone died  
18 rapidly after sustaining this injury the pathologist may not  
19 be able to detect it.

20 Q. As a neurologist attending to someone, if they had sustained  
21 such an injury would you expect to see signs that would  
22 alert you to it?

23 A. I really think that you would be better addressing that  
24 to a neurologist rather than a pathologist, my Lord.

25 Q. Well, do you not think you can help me here?

26 A. Well, I don't think I should. I mean, it's not my field  
27 and, therefore, I think it is a field for the clinicians not  
28 for a pathologist.

29 Q. It is quite clear that the neurologist in this case didn't  
30 contemplate that there was this type of injury that you say

1       existed, is that right?

2       A. Yes, my Lord.

3       Q. Now, would, for example, a CT scan be likely to show up the

4       type of injury of which you speak?

5       A. My Lord, I think again that question should be directed

6       to the clinicians, not the pathologist.

7       Q. No, no, do you think a CT scan would show that up?

8       A. I do not think, my Lord, it is appropriate for my as a

9       pathologist to answer questions about a CT scan.

10      Q. Well, surely you can say whether or not a CT scan would show

11      you this.

12      A. But, my Lord, it is not my field. I am not an expert at

13      looking at CT scans.

14      Q. Let me put it to you another way; if the CT scans, there

15      were two of them in this case, one on the 27th and one on

16      the 30th of April, showed up nothing other than soft tissue

17      swelling of the scalp and nothing thought to be of

18      significance, would that be compatible with Mr Hamill having

19      suffered the type of injury that you say he had?

20      A. My Lord, again it is not for me to comment on the CT

21      scans. That is a question that should be directed to

22      clinicians.

23      Q. If the CT scans only showed up soft tissue swelling of the

24      scalp, but no apparent inter cranial abnormality, that would

25      be inconsistent, would it not, with this man having suffered

26      the diffuse axonal injury?

27      A. I do not know, my Lord, that is a question that needs to

28      be put to clinicians, not to pathologists.

29      Q. This man had begun to improve according to the hospital

30      clinical records, is that right?

1 A. That's my understanding, yes.

2 Q. He had begun to make purposeful limb movements, he was  
3 breathing unaided, he was reacting to painful stimuli and  
4 he was sufficiently conscious on the 28th of April to be  
5 trying to get out of bed.

6 A. Yes, my Lord.

7 Q. And at that stage he was transferred out of the intensive  
8 care ward.

9 A. That's correct.

10 Q. So until the day of his death he appears to have been making  
11 progress, is that correct?

12 A. I believed the clinicians believed he was making  
13 progress, that's correct.

14 Q. And then in their view suddenly and unexpectedly he  
15 deteriorated.

16 A. Yes, my Lord.

17 Q. And that is timed in the notes as being at 3.40 pm, the  
18 deterioration.

19 A. That is correct.

20 Q. And he's certified dead less than an hour and a half later.

21 A. That's correct, my Lord.

22 Q. It appears he turned blue, respiratory difficulties and  
23 ultimately suffered cardiac arrest.

24 A. Yes, my Lord.

25 [REDACTED]: In a simple and easy to understand terms  
26 as are available, what does cause death from axonal damage,  
27 how does it result in death?

28 THE WITNESS: Well, I think there are probably a number of  
29 mechanisms involved, and I think some of these are not  
30 precisely understood. I concede that in this case the

1 rapidity of death is unusual, I think that it's fair to say  
2 the clinicians were surprised that his death was so rapid.  
3 Whether it is related to the damage to the vital centres in  
4 the brain stem is one possibility. It is recognised that  
5 these people do succumb to infection. It's also recognised  
6 that they frequently aspirate. So what the precise mechanism  
7 of death in this case, I must say I'm at a loss to explain  
8 the rapidity of death. What I can say is that the severity  
9 of the injury was such that I am not surprised that he died  
10 and the brain injury is such that in my view was sufficient  
11 to cause his death, albeit that the mechanism may appear  
12 somewhat obscure.

13 [REDACTED]: Professor, are you saying that you cannot explain  
14 the dramatic deterioration from improving clinical state to  
15 rapid death?

16 A. That is correct.

17 Q. If he had suffered diffuse axonal damage at the time of the  
18 assault why would he have been clinically improving for  
19 several days?

20 A. Well, I think, first of all, that can occur and is  
21 recognised in that the level of consciousness can change.  
22 These people may appear to improve and often what can happen  
23 is if the improvement continues then they develop the  
24 condition known as persistent vegetative state which is  
25 described as a consequence of diffuse axonal injury. So  
26 clinically I think there are differences in the progress of  
27 this condition. They may die very quickly from it, their  
28 death may be delayed. I think that the precise mechanism, as  
29 I say, is incompletely understood and I certainly cannot  
30 give you a precise reason why.

1 Q. A progression of improvement would be an unlikely sequence  
2 towards death, would it not?  
3 A. Yes, although in saying that from my perusal of the  
4 medical records, although there did seem to be some  
5 improvement, there still seemed to be evidence of ongoing  
6 cerebellum damage. He never fully regained consciousness.  
7 Q. But he was getting there.  
8 A. That might have been the case but he still remained  
9 showing signs of cerebral damage, of irritation, of  
10 aggitation, requiring sedation.  
11 Q. You have agreed, Professor, he was improving.  
12 A. That was the view of the clinicians, yes.  
13 Q. Why then at this point did he suddenly die?  
14 A. Well, I have explained to my Lord that I don't know the  
15 precise mechanism why he died.  
16 Q. It is something of a mystery.  
17 A. Yes, it is, my Lord. What one normally does in these  
18 circumstances is to consider the various possibilities, both  
19 what the clinicians had thought of and that I consider. By  
20 excluding them we, I formed the view that in view of the  
21 presence of very significant head injury that that was  
22 sufficient to be responsible for his death. As I indicated  
23 to counsel earlier, had he not sustained the head injury  
24 then he would still be still be alive.  
25 Q. If it had been recognised that what you say existed, there  
26 had been diffuse diffuse injury, would his treatment have  
27 been any different?  
28 A. Well, again that is a question probably should be  
29 directed to the clinicians.  
30 Q. Doing the best you can.

1 A. My understanding is, however, that there is no  
2 treatment for diffuse axonal injury, that if these people  
3 survive, as they can do, they may, if it's severe, be left  
4 in this persistent vegetative state.

5 Q. But if one is showing clinical signs of improvement one is  
6 not heading towards a vegetative state.

7 A. Well, there is always of course what the other side  
8 that one may improve, one may fully recover, I accept that.

9 Q. And if his death then is a mystery to you as to why he  
10 deteriorated and died --

11 A. With respect, my Lord, I didn't say his death was a  
12 mystery.

13 Q. Sorry, you agreed that why he should suddenly die was a  
14 mystery.

15 A. The precise mechanism of his death.

16 Q. Now, you ruled out Mr Fannon's options, you said the clot  
17 option would have shown up at post mortem.

18 A. Yes, there was no question, there was no pulmonary  
19 embolism.

20 Q. You said that the septicemia would have had some tell-tale  
21 signs, is that right?

22 A. Yes, there would have been signs, I think at post mortem,  
23 and secondly blood cultures were taken whilst he was still  
24 alive and when his temperature became elevated.

25 Q. But the blood sample that you took after death you never had  
26 examined.

27 A. No, I didn't.

28 Q. Why take it?

29 A. I didn't take blood for blood culture.

30 Q. You took a sample.

1 A. Yes, I did.

2 Q. Why take it?

3 A. I think that was requested by the police for DNA  
4 examination. It wasn't sent to the laboratory.

5 Q. You said that analysis can be unreliable, but might there  
6 have not in these circumstances been some value in carrying  
7 out an analysis?

8 A. No, I didn't think so, my Lord, for the two reasons that  
9 I gave. First of all, blood was taken for culture whilst he  
10 was alive and, secondly, post mortem blood cultures are  
11 notoriously unreliable.

12 Q. But September seem I can't, which is really a blood  
13 poisoning, isn't it?

14 A. That's correct.

15 Q. That would show up in his blood samples during, before  
16 death?

17 A. That's correct, yes.

18 Q. Would it not still show up after death?

19 A. No, the difficulty is that after death the blood quickly  
20 becomes contaminated, my Lord, and indeed there was evidence  
21 of that in the fluid that I took from his cerebrospinal  
22 fluid, that had become contaminated. Both from within the  
23 body and secondly simply from the post mortem. So post  
24 mortem examination for infection can be unreliable and  
25 particularly examination of blood for infection can be  
26 unreliable.

27 Q. Can you authoritatively exclude the possibility of  
28 infection?

29 A. Well, one can exclude it in that there was no sign; A,  
30 during life, that he had infection, and, secondly, there

1 wasn't any evidence at post mortem to definitely indicate  
2 it. If he had have died from septicaemia then obviously we  
3 would have to consider why that septicaemia would have  
4 occurred. And one would have to consider that it again must  
5 have been some way related to the underlying head injury.  
6 One source, for example, of infection might be the fracture  
7 of the skull, where it's recognised that infection can get  
8 into the body through a skull fracture. One would expect  
9 then to find perhaps then either localised infection around  
10 the brain in that area, or generalised infection in the  
11 brain, and that was why I examined the cerebrospinal fluid  
12 to rule out the possibility of an infection having gained  
13 entry.

14 SB to BC 12.00

15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26  
27  
28  
29  
30

1 B.C.15 12 noon

2 **PROFESSOR J CRANE: CROSS-EXAMINED BY MR ALLISTER**

3 **(CONTD.)**

4 [REDACTED]: Did you ever find any explanation for  
5 the fluids being cloudy? A. No, I didn't, but  
6 that is not an altogether uncommon finding that the fluid  
7 may be a little bit cloudy.

8 Q. Cloudy fluid can be a symptom of infection?

9 A. It can be.

10 Q. Including meningitis? A. Yes.

11 Q. And other consequences?

12 A. Meningitis means a generalised infection, so the  
13 cerebral spinal fluid in meningitis can become cloudy.

14 Q. Cerebral spinal fluid, cloudy fluid, is suggestible  
15 ...?

16 A. It is an indication that there might be infection  
17 there.

18 Q. You never found an explanation for it?

19 A. No. There was no evidence for meningitis. The  
20 fluid was examined and the brain was examined and if there  
21 was infection it would have been seen on the sections of  
22 the brain.

23 Q. Dealing with Mr Fannon's other suggestion of the  
24 drug-induced reaction, it is a fact that at 3.10 pm he is  
25 given an injection of Clopromazine? A. Yes.

26 Q. And thirty minutes later at 3.40 he goes into  
27 crisis? A. Yes, My Lord.

28 Q. The dosage was quite substantial?

29 A. I am just not sure what it was.

30

1 B.C.16  
2 Q. It was 100 mg. That was quite substantial?  
3 A. That is a significant dosage, but within the  
4 therapeutic range.  
5 Q. A side effect of that drug, which is quite a  
6 powerful drug, is that it can cause breathing difficulties?  
7 A. It can cause some degree of respiratory  
8 (inaudible).  
9 Q. And some cardiac difficulties? A. It  
10 is a possibility, My Lord.  
11 Q. And with therefore a very high temperature?  
12 A. Yes.  
13 Q. This man's temperature went to 42 degrees, 107 F?  
14 A. Yes.  
15 Q. So, all of those factors would be consistent with  
16 malignant neuroleptic syndrome? A. It was  
17 something which I had to consider.  
18 Q. I know that you are going to say that you test  
19 other things but all of those factors up to this point would  
20 be consistent with that syndrome? A. He was  
21 already receiving Clopromazine for about a week prior to  
22 the dose being given and if he was going to develop  
23 malignant neuroleptic syndrome we would have had signs.  
24 He was given the drug previously and had no side effects.  
25 Q. Is it not correct that the dosage should have been  
26 half? A. Yes.  
27 Q. Suddenly the dosage was double?  
28 A. The dosage we do not think is an important factor  
29 in relation to the syndrome. In other words, it seems to be  
30

1 B.C.17

2 a reaction to the drug as opposed to the amount of the  
3 drug.

4 Q. The fact that he already had some that day, would  
5 that be a factor?

6 **MR KERR:** I think that the evidence yesterday was that  
7 there was an entry for an earlier dosage but it wasn't  
8 signed and he concluded that it may not have been given.

9 [REDACTED] It remains unclear. If he was given an  
10 earlier dosage would that have had any effect? If earlier  
11 that morning he already had his regular dosage, his 50 mg,  
12 and then at 3.10 in the afternoon he is given a further 100  
13 mg, would the combination of that be likely to have any  
14 effect? A. I'm not sure. In relation to  
15 malignant neuroleptic syndrome?

16 Q. Yes? A. I don't think so. He had the  
17 drug earlier and if he was going to show signs he would  
18 have done so at that time.

19 Q. Of course, he had been showing very high  
20 temperature? A. I'm not sure. That may have  
21 been the case.

22 Q. So that might have been a tell tale sign?

23 A. It is one of the signs. I think there are various  
24 possibilities as to why his temperature might be raised. (1)  
25 certainly, malignant neuroleptic syndrome; (2) infection.  
26 The other one was damage to the hypothalamus, which is  
27 the temperature regulator mechanism of the brain.

28 Q. You say if he died from this syndrome I would  
29 have expected to make relevant findings in the kidneys and  
30 the liver and the brain? A. Yes.

1 B.C.18

2 Q. The fact that he died so rapidly, in other words,  
3 he gets the drug at 3.10 and goes into crisis at 3.40, and he  
4 is certified dead at 5.03, and I think the clinical notes would  
5 suggest that he probably was dead some time before that.  
6 There is a 20 minute attempted resuscitation which did not  
7 work which was at an earlier stage? A. Yes.

8 Q. It may be that he died within an hour of getting  
9 the drug? A. Yes.

10 Q. The fact if he died within an hour of getting the  
11 drug would there have been time for the manifestations  
12 which you would expect to find in the bladder, kidney and  
13 the brain to work through? A. No, there would  
14 not, but the syndrome doesn't develop as rapidly as that.  
15 What tends to happen is that these people get a very high  
16 temperature, then they go through a course of fitting.  
17 They often lose consciousness, and then they start to  
18 develop the changes in the kidneys and liver, so its not a  
19 syndrome which occurs within thirty minutes of giving the  
20 drug. It takes a while to develop.

21 Q. Is there any reason why he deteriorated as rapidly  
22 as he did? Is there any reason why he should not have so  
23 deteriorated? A. My understanding is that the  
24 syndrome is not associated with such a rapid demise.

25 Q. But you can't associate anything with such a rapid  
26 demise? A. I am happy to concede that I don't  
27 know why his deterioration should have been so rapid, but  
28 my note of the syndrome is that it doesn't cause rapid  
29 death.

30

1 B.C.19

2 Q. But the fact that you didn't find any tell tale signs  
3 in the kidneys, the brain or the liver do not help us one way  
4 or the other because he died so quickly that those  
5 symptoms would not have been showing? A. I

6 think if he developed the syndrome which he may have  
7 developed because he had been on the drug then we should  
8 have had evidence of that. He did have a high temperature  
9 before his demise. If the high temperature was a feature  
10 of the development of the syndrome then there was  
11 sufficient time for all the other changes to have developed.

12 Q. Have you ever known of a case of diffuse axonal  
13 damage where the patient has been improving and where  
14 death has been so rapid? A. No, I have not.

15 Q. Is the normal progression that one drifts  
16 downwards and eventually simply dies?

17 A. That is correct.

18 Q. How many years have you been conducting  
19 examinations? A. Probably about eighteen years  
20 as a forensic pathologist.

21 Q. In that time how many diffuse axonal brain  
22 injuries would you have found? A. I don't  
23 think that we have seen very many. I have to say this  
24 condition has only been recently recognised in the  
25 literature so there were probably cases of death which we  
26 were not quite sure what the mechanism of death was so I  
27 have to say that this syndrome is a relatively recent one  
28 which has been described in the literature, and one that I  
29 suppose we didn't look for in the past probably as often as  
30 we should have.

1 **B.C.20**

2 **THE JUDGE:** Would this be the first one which you  
3 would have identified? A. No, I have had others  
4 particularly in children in road accidents but this is  
5 probably the first one that I have personally had in relation  
6 to an assault and that was one of the reasons why I was  
7 keen to check the literature to see whether this syndrome  
8 was associated with assault. I was aware that it was  
9 associated with the rapid acceleration/deceleration injuries.

10 Q. You have never experienced one where death has  
11 come so unexpectedly quickly? A. Yes, I can't  
12 explain the precise mechanism as to why that happened.

13 Q. Have you taken soundings with others?

14 A. Yes. I have discussed it with neuropathologists.

15 Q. Are you aware of any case from any source of  
16 death being as rapid as here? A. Well, I have  
17 not had an opportunity to discuss it with other forensic  
18 pathologists, so I don't know whether others have had  
19 similar cases or not.

20 Q. Can I suggest you being unable to explain why he  
21 should suddenly deteriorate and die that there has to be  
22 some doubt as to the cause of death in this case?

23 A. As I indicated to My Lord earlier, I take the view  
24 that this man has evidence of very severe head injury, the  
25 severity which in my view is sufficient to have accounted  
26 for his death.

27 **THE JUDGE:** Very severe head injury sufficient to  
28 account for his death? A. Yes, My Lord.

29 **MR ALLISTER:** Would it also be fair to say that given  
30 the element of mystery there could be other unknown

1 B.C.21  
2 causes for his death? A. One always has to  
3 consider the unknown. What one does as a pathologist is  
4 to consider the various possibilities that one is aware of  
5 and to see if one can exclude those various possibilities and  
6 that is what I have tried to do to the best of my ability.  
7 Q. Are you saying that it is possible that he died for  
8 another reason which you can't explain? A. I  
9 don't know of any other reason to account for the death. I  
10 have considered the various possibilities both that  
11 clinicians thought of and as I thought of.  
12 Q. But you can't explain why this progressing man  
13 should suddenly ....?? A. I can't understand  
14 why there was a sudden deterioration in his condition.  
15 Q. I want to suggest in conclusion the fact that he  
16 was improving might well be thought to be a pointer that  
17 death would be unexpected from this injury and therefore  
18 one does have to find explanation elsewhere?  
19 A. I sought to consider other possible explanations  
20 for this man's death. I am still satisfied that his death was  
21 caused by the head injury and I am satisfied as to the  
22 severity of that injury and the likely mechanism by which it  
23 occurred.  
24 Q. Is there a possibility that death was by another  
25 cause? A. I don't know of another cause. I  
26 have considered the other possibilities.  
27 Q. In terms of the drug reaction, you do concede  
28 that the confirmatory organs that one goes to, to see if  
29 there is something and to confirm, it would not be of  
30

1 B.C.22

2 assistance one way or the other because of the rapidity of  
3 death?

4 A. No, I didn't say that, My Lord, at all. What I said  
5 was that this syndrome is not associated with a sudden  
6 rapid demise in the space of a matter of minutes. It is a  
7 condition that develops often over a period of days and in  
8 which these signs become emphasised, and which can then  
9 be seen at post mortem.

10 Q. If he had very high temperature over the previous  
11 days it may have been? A. Then one would have  
12 expected to have seen changes in the liver, kidneys and  
13 brain at post mortem.

14 Q. Or there is an alternative possibility that it  
15 manifested itself with great rapidity because of the  
16 dosage? A. I don't know of any case that that  
17 has occurred. In my experience of dealing with this  
18 syndrome and we have had some is that there are changes  
19 that are apparent and can be detected. In the cases that  
20 have been examined in the Department of Neuropathology  
21 in the Royal, there were all changes apparent in the brain.

22 Q. Likewise in none of those cases was there a rapid  
23 death from improvement? A. Correct.

24 Q. Finally, in terms of the circumstances that might  
25 have given rise to this axonal injury, you said that a fall  
26 accelerated by a punch could cause it?

27 A. Yes. What I said was that the view taken again is  
28 that a simple fall from a person's own height, in other  
29 words, if you fall down onto the ground will not cause this,

30

1 B.C.23  
2 but an accelerated fall or fall from a height may be  
3 associated with diffuse axonal injury.  
4 Q. Or if one was running and fell in liquid and fell  
5 fast, could one suffer this injury? A. I think it is  
6 a possibility because that would be an accelerated fall.  
7 Q. Did you find a bruise at the back of the scalp at  
8 the right side? A. I would need to refresh  
9 my memory. No. There was no bruising at the back of the  
10 scalp. The bruising was on each side, on the left side and  
11 right side. There was none at the back.  
12 Q. And either of those bruises might be associated  
13 with the mechanism that gives rise to the injury?  
14 A. Sorry?  
15 Q. Might either be associated with the mechanism  
16 which gave rise to the injury? A. The bruising  
17 on the scalp indicates that there was blunt force applied to  
18 the sides of the head.  
19 Q. Which could be the head hitting a surface?  
20 A. That is a possibility.  
21 Q. And a man running and slipping could hit his head  
22 and could suffer this injury? A. That is a  
23 possibility.  
24 Q. Particularly a man running away in fear?  
25 A. Yes. That is a possibility if someone was running  
26 and fell and struck their head on the ground that diffuse  
27 axonal injury could occur.  
28 Q. Thank you.  
29  
30

1 **B.C.24**

2 **RE-EXAMINATION BY MR KERR:**

3 **MR KERR:** Just one matter. My Friend suggested in  
4 relation to the rapid death related to the dosage given of  
5 the drug concerned. Is there any correlation between the  
6 dosage given and the onset of this syndrome?

7 A. My understanding is that there is not. In other  
8 words, this is a reaction to the drug and it is not simply  
9 because you give more and more of it. That is my  
10 understanding of it.

11 Q. Thank you.

12 **THE JUDGE:** What function to the axons play?

13 A. The axons serve for the transmission of nerve  
14 impulses throughout the brain, so they are a key player in  
15 the brain function and the brain cannot function without  
16 them. I mean, there are millions of these cells in the brain.

17 Q. Are they a part of the structure, as it were, of the  
18 brain? They are fixed? Each one is fixed?

19 A. They are part of the structure of the brain, that is  
20 correct.

21 Q. Thank you.

22

23 **(CONSTABLE NEILL: RECALLED)**